

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

WASHINGTON MUNOZ,)
)
Plaintiff,) TRANSCRIPT
) OF
v.) TRIAL
)
L.P. CIMINELLI, and)
PAINO ROOFING CO., INC.,)
)
Defendant.)

Place: Middlesex County Courthouse
56 Paterson Street
New Brunswick, New Jersey 08903

Date: July 12, 2017
Volume 2 of 2
Pages 201 - 286

BEFORE:

HONORABLE ANDREA G. CARTER, J.S.C., AND JURY

TRANSCRIPT ORDERED BY:

JOSEPH J. GULINO, ESQ. (Nicoletti Gonson Spinner LLP)

APPEARANCES:

GERALD H. CLARK, ESQ.
LAZARO BERENGUER, ESQ.
(Clark Law Firm)
Attorneys for the Plaintiff

JOSEPH J. GULINO, ESQ.
(Nicoletti Gonson Spinner LLP)
Attorney for the Defendant

Transcriber, Sherry M. Bachmann
G&L TRANSCRIPTION OF NJ
40 Evans Place
Pompton Plains, New Jersey 07444

Sound Recorded
Recording Operator,

1 (Continuation of Volume 1 of 2)

2 MR. CLARK: Correct.

3 THE COURT: So to the extent that he's not
4 allowed to, then the jury's verdict will have to be
5 molded accordingly.

6 MR. GULINO: Then I'm going to want each and
7 every one of the medical care providers who provided
8 you with those numbers to come in and testify.

9 THE COURT: Well, -- anything else that I
10 have to address?

11 MR. CLARK: Judge, I've never had -- I've
12 never -- just for the record, the medical bills are
13 here. The doctors reviewed them. I expect he will
14 testify they're reasonable, necessary, and related.
15 Ordinarily, in my experience, the parties just
16 stipulate to the number.

17 THE COURT: That's normally the case, but go
18 ahead.

19 MR. CLARK: The collateral source rule
20 prevents the double recovery. It requires post-verdict
21 that the parties submit to the Court things that are
22 relevant to that. I've never had a situation where
23 I've been required or -- to bring in a records
24 custodian or a person from each provider and say, yes,
25 yes, yes, and that --

1 THE COURT: You try your case, and he can
2 make the appropriate motion that he feels is
3 appropriate and then I'll rule on it. But the fact of
4 the matter is, a lot of the issues that you're raising
5 are issues that are addressed by way of post-verdict
6 molding. So you can make that argument that the bills
7 aren't reasonable and necessary and make your arguments
8 accordingly. But you can require whatever you feel you
9 need to require, but it's his case to prove and if you
10 don't think he's proven it, then you make the motion
11 when it's time to make the motion.

12 MR. GULINO: Those expenses were not
13 incurred.

14 THE COURT: Okay.

15 MR. GULINO: 56--

16 THE COURT: Sir, you're telling -- listen, I
17 didn't go to any of those doctors. I don't know
18 whether they were incurred or not. So you're telling
19 me this means nothing to me.

20 MR. GULINO: Then he said to me that he
21 didn't want to be forced to bring in each of the
22 medical care providers and I said, okay, we'll do this.
23 Now, if he wants to do that, then let him lay the
24 foundation for each individual medical care provider to
25 say, yes, these are the bills. Do it.

1 THE COURT: It seems to me that part of the
2 discussion included the fact that you indicated that
3 while you were stipulating to an amount, you were not
4 stipulating to the fact that the bills were reasonable
5 and necessary.

6 MR. GULINO: To the 56,000 that was owed on
7 the medical.

8 THE COURT: Okay. Then to the extent that
9 that's your stipulation, he'll prove whatever he needs
10 to prove with respect to the --

11 MR. GULINO: Oh, Judge.

12 THE COURT: You're doing it again. You are
13 doing it again. You don't like my ruling and the
14 record should reflect, the hands go up, oh, Jesus.
15 None of this is necessary. It's very disrespectful. I
16 am not disrespectful to you, and I don't have to
17 tolerate it from you, sir. You do it again and be
18 prepared to take out your checkbook. I'm tired of it.

19 MR. GULINO: I apologize, again. I am sorry.
20 But I thought this was an agreement we had the other
21 day in front of you. That's why. To me, and maybe I'm
22 wrong, he's changing the rules. He said, I don't want
23 to have to bring everybody in. I said, fine. Then I
24 will agree to the 56. I don't agree to the necessity
25 of it. That's what I thought, and I apologize if I

1 upset you, Judge, and it's my fault. It's not yours.
2 That's what I thought, and it seems to me as if Mr.
3 Clark is changing the rules and the agreement that we
4 had the other day in front of you.

5 MR. CLARK: I just have to correct the
6 record. There was no such agreement, and the record
7 speaks for itself on that. That's just not the case.

8 THE COURT: Okay. Let's bring in the jury.

9 COURT OFFICER: Jury is entering.

10 (Jury present in courtroom)

11 THE COURT: Thank you. Please be seated.

12 Mr. Clark, your next witness.

13 MR. CLARK: Yes, Judge Carter. At this time,
14 we would like to call Dr. Thomas Helbig.

15 COURT OFFICER: Place your left hand on the
16 Bible, lift your right, state your full name for the
17 record.

18 DR. HELBIG: Thomas Edward Helbig, M.D.

19 COURT OFFICER: Spell your last name.

20 DR. HELBIG: H-e-l-b-i-g.

21 T H O M A S E D W A R D H E L B I G, M. D.,

22 PLAINTIFF'S WITNESS, SWORN

23 COURT OFFICER: Thank you, sir. Please be
24 seated and answer all questions.

25 VOIR DIRE DIRECT EXAMINATION BY MR. CLARK:

1 Q Dr. Helbig, could you just give us your --
2 the short -- the short version of your CV or resume,
3 your education, that sort of thing?

4 A Sure. I attended college at Rutgers University,
5 graduated with a Bachelor's degree in Chemistry in
6 1977. I attended New Jersey Medical School, graduated
7 with my M.D. degree in 1981. I did post-graduate
8 training in surgery and orthopedic surgery at New
9 Jersey Medical School in Newark, which I completed in
10 1986. I did a fellowship in spinal surgery at Upstate
11 Medical Center in Syracuse in New York, which I
12 completed in 1987. I've been in practice in orthopedic
13 surgery in South Orange in New Jersey up until now, and
14 I have been Board certified by the American Board of
15 Orthopedic Surgeons as a Board certified orthopedist
16 since 1989.

17 MR. CLARK: Doctor, at this time, I would
18 like to ask the Court to allow Dr. Helbig to testify as
19 an expert in the field of orthopedic surgery. Thank
20 you.

21 THE COURT: Any voir dire?

22 MR. GULINO: No objection.

23 THE COURT: Okay. Members of the jury, this
24 witness is being offered to you as an expert in the
25 field of orthopedic surgery. I'm satisfied that based

1 on his qualifications, he's able to offer you such an
2 opinion. What weight you will give it will still be
3 left to you. All right? Your witness.

4 MR. CLARK: Your Honor, may I ask that the
5 witness keep his voice up, please?

6 THE COURT: Sure.

7 DIRECT EXAMINATION BY MR. CLARK:

8 Q Dr. Helbig, I have your reports and I just
9 want to go through that. Why don't you just tell us
10 how you came to, you know, -- just tell us your
11 relationship with Washington Munoz in terms of treating
12 him, that sort of thing.

13 A Sure. I started treating and taking care of Mr.
14 Munoz July 11th, 2013. It was regards to an injury
15 from June 25th, 2013. He reported to me that there was
16 an injury at work. He had stepped into a hole and
17 landed on his right arm while carrying a heavy bucket,
18 and he had sustained injuries to his neck and his back,
19 his right shoulder, and his right arm at that time.

20 Q Okay. And, doctor, I'm going to ask you to
21 give expert conclusions. Will all the conclusions you
22 give in the case and your opinions be within a
23 reasonable degree of medical probability?

24 A Yes.

25 Q All right. And by that, basically, will your

1 answers be more likely right than wrong?

2 A Oh, yes.

3 Q Okay. Thank you. Why don't you continue on
4 and tell us the course of treatment for Washington
5 Munoz.

6 A Sure. I've taken care of Mr. Munoz for several
7 years now, so it may be a little long. I apologize.
8 When I first saw him that first date, July 11, 2013, he
9 was 5'6" tall and 145 pounds. I examined his neck,
10 which showed tenderness, meaning when I press on the
11 muscles, it hurt. He had good motion, but it was
12 painful.

13 I examined his lumbar spine, which is the
14 medical word for the lower back. He had severe
15 tenderness and what I termed a moderate restriction of
16 motion, difficulty bending and straightening out
17 because it was painful. His left shoulder was normal.
18 His right shoulder showed several abnormalities at that
19 time. Number one, there was a tear that was very
20 obvious of something called the biceps tendon. The
21 biceps is the big muscle in the front of the arm.
22 We're all familiar with that.

23 There's two large tendons that go into the
24 shoulder joint. The tendons basically attach the
25 muscle to the bone and allow the muscle to do its work.

1 Q I'm sorry to interrupt you, doctor, just
2 because I have an exhibit that I want to ask you about.
3 I'm going to show you what we marked as plaintiff's
4 Exhibit 9.

5 A Yes.

6 Q Are you familiar with this exhibit?

7 A Yes.

8 Q Does this fairly and accurately depict the
9 condition of Washington Munoz' shoulder before you did
10 surgery?

11 A Yes.

12 MR. GULINO: Objection. Foundation.

13 THE COURT: Overruled. Go ahead.

14 BY MR. CLARK:

15 Q Will this assist your testimony to the jury
16 to explain the nature and extent of the injuries that
17 you treated him for related to the incident of June 25,
18 2013?

19 A Yes.

20 MR. CLARK: Your Honor, at this time, I would
21 like to use plaintiff's Exhibit 9 as a demonstrative
22 evidence or a demonstrative exhibit to assist the
23 testimony.

24 MR. GULINO: Voir dire on the exhibits.

25 THE COURT: On the exhibits?

1 MR. GULINO: Uh-huh.

2 THE COURT: You're objecting to the use of
3 the exhibits based on the foundation?

4 MR. GULINO: Yes. I am. I'm objecting to
5 the use of the exhibits.

6 THE COURT: You can voir dire on cross.

7 MR. GULINO: Okay. I'll withdraw the
8 objection, Your Honor.

9 THE COURT: You're allowed.

10 BY MR. CLARK:

11 Q Doctor, I'll -- I'll just put it up here and
12 there's a laser pointer you have. You can use this
13 to --

14 A Sure.

15 Q Is that angle okay?

16 A This is perfect. The biceps is the medical term
17 for the muscle in the arm. The tendon goes up into the
18 shoulder joint and it attaches into the shoulder. This
19 shows where the tendon is still attached to the
20 shoulder. This shows where it goes into the muscle in
21 the upper arm. This is the shoulder joint. This is
22 the humerus, which is the large bone in the arm, and
23 this is the shoulder blade. This is the collar bone.
24 This shows the tear where the tendon is ruptured. It
25 is very clear.

1 Q And what caused the rupture that is shown in
2 there based on your treatment and review of the
3 materials?

4 A The injury from June 25th, 2013, clearly.

5 Q All right. Then if you could just continue
6 on. First of all, just briefly, you said, you saw him
7 in July?

8 A Yes.

9 Q Okay. But you weren't the first medical
10 provider that gave him attention for this incident,
11 correct?

12 A I don't believe so. No.

13 Q And I just have a medical note here. Will
14 this assist you to say when he first received medical
15 treatment for the injury?

16 A Right. This is the initial injury, June 26th,
17 2013, the day after the accident.

18 Q Okay. All right. Thank you. All right. If
19 you would just continue on with your course of
20 treatment of Washington, you know, as per the injuries
21 related to the incident we're here for today.

22 A Sure. When I examined him that day, just to
23 finish the July 11, he had limited motion of his right
24 arm. He had signs that made me worried about a tear of
25 what's called the rotator cuff. The rotator cuff or

1 tendons is in the shoulder that help a person to
2 elevate or lift the arm.

3 Because of that, I sent him for an MRI. An
4 MRI is a test you may or may not be familiar with, but
5 it's a sophisticated radiographic test that shows
6 things x-rays don't show, things doctors can't see just
7 by looking at a patient. Basically, it shows the
8 anatomy, the inside of the body.

9 He had the MRI done on July 19th, 2019, at
10 Advanced Imaging Center. At that time, I reviewed the
11 scan and the report from the radiologist. It showed a
12 possible tear of the rotator cuff but without what we
13 call retraction, meaning the tendon wasn't pulled away
14 and out of position. Those findings could also be
15 tendonitis. It was hard to say just by looking at the
16 scan.

17 Q Doctor, we have --

18 THE COURT: I'm sorry. Hold on.

19 MR. GULINO: Can we move that over there, so
20 everybody can see it? I can't see the doctor with this
21 in front of me. If we can move that demonstrative
22 evidence over there.

23 THE COURT: Well, you're done with this for
24 now? We can move that. Okay.

25 MR. CLARK: Judge, he still will be talking

1 about the injuries. Is it all right if I leave it up
2 there?

3 THE COURT: Sure.

4 MR. CLARK: Okay.

5 BY MR. CLARK:

6 Q Doctor, we have the films, the MRI film you
7 just referred to. You reviewed -- you said you
8 reviewed the film, correct?

9 A Yes. I did.

10 MR. CLARK: All right. I would like to post
11 it up. Now, Judge, is it okay if the doctor comes down
12 and points it to you because I don't think the laser
13 pointer will work?

14 THE COURT: Sure. Sure.

15 BY MR. CLARK:

16 Q Doctor, just watch the easel and the cord
17 there.

18 A This is the initial MRI scan on Mr. Washington
19 Munoz performed on July 19, 2013. We can see the
20 humerus, which is the bone in the upper arm. We can
21 see the shoulder blade, which is here. This is the
22 shoulder joint. The rotator cuff are tendons that come
23 across the shoulder. This is the muscle. The rotator
24 cuff tendon comes in and it goes into the -- into the
25 bone and that's what allows the rotator cuff to lift

1 the arm.

2 Normal rotator cuff on an MRI should be
3 very --

4 MR. CLARK: Is that -- I'm sorry. Judge, I
5 don't know if all the jurors can see.

6 BY MR. CLARK:

7 Q Doctor, let me --

8 A So this is the normal rotator cuff but, here, the
9 nor-- like the rotator cuff should be dark and black.
10 There shouldn't be any white signal into it, which is a
11 sign of either inflammation or an injury and, in this
12 case, this is a partial tear. As I said, there's no
13 retraction being that the tendon is not attached all
14 the way by the bone and that's clearly shown here.

15 Q Okay. And you can continue on what your
16 course of treatment was to treat that condition.

17 A A month later, August 13th, I did a cortisone
18 injection into his shoulder. Frequently, that can help
19 relieve pain and inflammation to facilitate rehab. I
20 sent him for physical therapy, hoping that this could
21 heal and that he could rehab without having to have
22 surgery.

23 When I saw him another month later, June --
24 September 10th, he had very poor motion, could only
25 lift the arm about half way, 90 degrees. Normal motion

1 should be 180 degrees, elevating the arm all the way
2 over the head and, at that time, I recommended surgery
3 because he had been through a fair amount of physical
4 therapy, non-operative treatment, which didn't work,
5 unfortunately.

6 On October 31st of 2013, I did surgery. It
7 was arthroscopic surgery.

8 Q All right. If I can, doctor, I have another
9 exhibit, plaintiff's Exhibit 10. Does that fairly and
10 accurately depict the surgery and will it assist you in
11 explaining that procedure and your treatment of him to
12 the jury?

13 A Yes.

14 MR. CLARK: At this time, I would like to
15 utilize plaintiff's Exhibit 10 to the extent the doctor
16 wants to.

17 THE COURT: Yes.

18 BY MR. CLARK:

19 Q All right?

20 A Excellent.

21 Q I'll put it here, if that's all right and,
22 again, we'll use the laser pointer.

23 THE COURT: You can -- you can move so you
24 can see or move that.

25 THE WITNESS: I'm okay.

1 THE COURT: Okay. Counsel?

2 THE WITNESS: I performed surgery to his
3 right shoulder. This is good representation. There's
4 usually two or three incisions. The cuts are about a
5 quarter inch or a centimeter long, one in the front of
6 the shoulder, one to the side, and usually one to the
7 back. We put a tool called an arthroscope, which is a
8 sophisticated surgical telescope, through a hole in the
9 back, and that allows us to actually visualize the
10 inside of the shoulder and the anatomy on the TV
11 screen. It's not (indiscernible).

12 When I did the surgery, I saw that the tendon
13 was torn. That was very visible. And I did several
14 things. Number one, there's a lot of inflammation as a
15 result of the trauma, as a result of the injury. So we
16 use a shaver. This is about three-and-a-half
17 millimeters in diameter that shaves out the inflamed
18 tissue. That's what this shows. And this is a close
19 up of the same thing, the shaver shaving things out.

20 We could see, we would call this frame, a
21 small partial tear of the upper surface of the rotator
22 cuff. That's visualized right here, again, the rotator
23 cuff muscle and the tendon coming into the bone and,
24 again, it was not retracted or totally pulled away at
25 that time.

1 In order to prevent the problem -- in hopes
2 of preventing the problem again, we performed something
3 called acromioplasty. That's a fancy medical word for
4 basically taking a powered burr. This is about a
5 quarter inch in diameter and it basically shaves off
6 some of the undersurface of the bone and it allows this
7 space, we call it subacromial decompression, it's the
8 space underneath this bone called the acromion. It
9 opens it up and that allows the rotator cuff to have
10 more room so that, during the healing phase of the
11 post-op rehab, which is real important, mobility can
12 come without impinging and this is called an
13 impingement syndrome.

14 Basically, what happens is -- basically, what
15 happens is because the bone rubs on the rotator cuff
16 with motion, after an injury, this small tear can get
17 worse and that's why we do this subacromial
18 decompression of the impingement syndrome to free this
19 up, and that's what we did.

20 BY MR. CLARK:

21 Q Okay. And then if you can just continue on
22 with your going through the course of treatment that
23 you did and this surgery was to treat injuries from the
24 incident we're here for today, correct?

25 A Yes.

1 Q Thank you.

2 A As I said, part of the -- important part of the
3 treatment of surgery is the post-operative
4 rehabilitation, physical therapy, and Mr. Munoz went
5 through a significant amount, pretty extensive physical
6 therapy. He made some improvement, but he continued to
7 have trouble and by June, 2015, he continued to have
8 limitation of motion of the shoulder. We had had an
9 updated MRI that showed a new problem and a worsening
10 of the problem he had had when I did his surgery and we
11 had to do a second surgical procedure in July, 2015.

12 That surgery is called an arthrotomy, which
13 is a major incision, about two inches long, in the
14 front of the shoulder. I did what's called a resection
15 of the distal clavicle. That's a fancy medical word
16 meaning I removed some of the bone at the end of the
17 collar bone.

18 Q Doctor, before you go into that, can we show
19 the -- you said there was an additional MRI. Can we
20 pop that up?

21 A Oh, yes.

22 Q And then, if I can, we also have plaintiff's
23 Exhibit 11. Is there any objection to -- Mr. Gulino?

24 THE COURT: Mr. Gulino?

25 MR. CLARK: Is there any objection to us

1 using this?

2 MR. GULINO: No. I have no objection to
3 that, Your Honor.

4 MR. CLARK: All right. Also, if I can, Your
5 Honor, I would like to put up plaintiff's Exhibit 11,
6 which --

7 THE COURT: Okay.

8 MR. CLARK: So we have here as well the
9 second surgery, Exhibit -- Exhibit 8.

10 THE WITNESS: This is an updated MRI that was
11 done after the first surgery. Once again, we're
12 showing the humerus. Again, (indiscernible) Mr.
13 Washington Munoz. The date of this scan is January
14 12th, 2015. This is the humerus. This is the shoulder
15 blade. This is that structure I told you before the
16 acromion, and you can see there's some inflammation
17 from where I had to remove some of the bone. That's
18 not that important.

19 Here's the new problem. The tear that we saw
20 before, this white structure that's not supposed to be
21 there is still present and it's extended. It's gotten
22 larger to the point that there's a lot of white signal
23 in here. Now, this may not look so big, if you're
24 looking at it from in the distance, but this is pretty
25 significant.

1 Now, the good news is there's no -- again,
2 that word retraction where the tendons pulled away. So
3 when I saw this MRI and when we got the report from the
4 radiologist, this is a high grade partial tear with
5 inflammation and it could also -- just by looking at
6 the MRI scan, this could even be a partial tear or this
7 could be a complete tear without the retraction. It's
8 impossible to say that from looking at the MRI just by
9 and of itself.

10 BY MR. CLARK:

11 Q And then if you can -- now, you said it was a
12 -- it was a new injury. We're here today to talk about
13 the injuries related to the incident. So what you call
14 a new injury, in your opinion, did you conclude that
15 that was related to the incident of June 25, 2013?

16 A What I should say this is a new finding. I think
17 this is an extension -- in fact, I know this is an
18 extension of the tear that was there previously. It
19 has gotten worse despite the arthroscopic surgery that
20 I did.

21 Q And in terms of finding out what made it
22 worse, did you read -- you're aware of the physical
23 therapy treatment he had?

24 A Right. He had been going through very extensive
25 physical therapy, initially starting to regain motion.

1 But after motion is regained, strength is important to
2 try to get back to normal activities. After going
3 through the strengthening exercises, after going
4 through a program called work hardening, which is
5 simulating work and actually, he did return to work
6 briefly, he came back much worse. I think that
7 contributed -- that extensive exercise program, that
8 extensive rehab program contributed to the worsening of
9 the problem.

10 Q Okay. Yes.

11 A So on -- in July, 2015, I had to perform a second
12 surgery. As I said, this -- there was an open
13 incision. This is about two inches long, and I
14 completed -- I did an even more extensive
15 decompression. This shows a tool called an osteotome.
16 That's a nice kind of a word for a chisel, removing
17 some more of the bone and doing the same thing to the
18 edge of the collarbone.

19 The reason that's done is that the collarbone
20 can contribute to crushing on the ner-- on the rotator
21 cuff and can be painful, so we removed that, the same
22 type of instrument, a chisel.

23 This depicts the tear. Now, the tear was not
24 retracted. It was not pulled away, thank goodness, so
25 it made it possible to repair it what we call

1 anatomically, actually put it back where it's supposed
2 to be and we used something called suture anchors.
3 They're 2.5 or 3.5 metal screws that are impacted into
4 the bone. They're attached to stitches, which
5 basically allow us to sew the tendon back to the spot
6 where it's supposed to be. And these pictures really
7 accurately describe what it looks like. This is the
8 humerus again, the bone in the arm. This is the suture
9 anchor, the big screw with the sutures, and this is the
10 tendon back in place.

11 Q And just taking a look at plaintiff's Exhibit
12 31, is this consistent with the scar that you would
13 expect from that surgery?

14 A Yes. That's it.

15 MR. CLARK: Okay. I would like to now
16 move --

17 MR. GULINO: No objection. No. I told you
18 that.

19 MR. CLARK: So P-31 in evidence. I would
20 like to move P-31 into evidence.

21 THE COURT: There's no objection?

22 MR. GULINO: Yes. Yes.

23 THE COURT: P-31 in evidence without
24 objection.

25 BY MR. CLARK:

1 Q Doctor, I note in your note of April 12th,
2 2014, you say that he still has a Popeye sign. What is
3 that and how is that significant to you?

4 A If you're my age, you know who Popeye was. He was
5 a cartoon character who had huge muscles and when he
6 ate spinach, the muscles ballooned up. When the biceps
7 tendon is ruptured, that -- you know, that tendon that
8 attaches the biceps muscle to the bone, it allows the
9 muscle to fall down into the arm and it looks like a
10 big lump and we call that Popeye sign. That's a
11 diagnostic test for a tear of the biceps tendon. It's
12 very -- it's very obvious.

13 Q The rotator cuff tear to the shoulder that
14 you talked about, it's your opinion or you concluded
15 that that was also related to the incident?

16 A Yes.

17 Q Can you explain the discrepancy how in either
18 the first MRI report it indicated not a full thickness
19 tear but then later there was a tear? Can you explain
20 the significance of that?

21 A Sure. Again, I apologize if I have to get a
22 little long winded.

23 Q Because the -- because the -- the idea or the
24 defense idea is that, well, it wasn't there on the
25 first MRI or it wasn't visible when you did the first

1 surgery but then it showed up later, so it must not be
2 related to the incident. So if you can just explain
3 that.

4 A The first MRI that we looked at showed something
5 termed an interstitial tear and that's confirmed on the
6 radiologist's report also. Interstitial means it's
7 inside the -- it's inside the tendon. So it's not
8 something that would be visible from the outside even
9 at surgery. There was some surface fraying and tearing
10 of the rotator cuff tendon at that time, but I would
11 not see the initial interstitial tear because it's
12 inside, even though we're looking at the rotator cuff
13 from the bottom and from the top.

14 The reason for attempting to do this
15 arthroscopic surgery as the first surgical treatment
16 and not repairing the interstitial tear is that if the
17 arthroscopic surgery works, the rehab is much easier.
18 The long-term sequelae, the long-term follow ups and
19 problems in the shoulder from the injury and the
20 surgery would be a lot less.

21 Unfortunately, as Mr. Munoz continued to
22 rehab and to do what I asked him to, I mean, this was
23 appropriate, that put extra stress on the already
24 injured rotator cuff and it allowed this partial tear
25 that was inside to extend to both surfaces, top and

1 bottom, and that became apparent when I did the second
2 surgery. That's why I could see at the second time why
3 I had to do the more extensive surgery as the second
4 operation and that's why it's directly related to the
5 injury. If he hadn't had the injury and had to have
6 the first surgery, he wouldn't have had to have the
7 second surgery.

8 Q And with regard to the shoulder injury and
9 how he is in the present time and into the future, is
10 the injury permanent? Is the injury permanent?

11 A Yes.

12 Q All right. And if you can just explain the
13 conclusions that you have with regard to the
14 permanency, the nature and extent of the injury that
15 you treated for with regard to permanency and the
16 permanent limitations that he has and will have as a
17 result of the injuries from the incident?

18 MR. GULINO: Objection to form.

19 THE COURT: Objection to the form?

20 MR. GULINO: Does he have an opinion within a
21 reasonable degree of medical certainty and then the
22 basis of it? I'm --

23 THE COURT: I seem to recall there being a
24 question that the doctor --

25 MR. CLARK: Yes.

1 THE COURT: -- would render whatever opinions
2 he rendered within a reasonable degree of medical
3 probability, and that was addressed at the outset. The
4 objection is overruled.

5 MR. GULINO: Okay.

6 BY MR. CLARK:

7 Q If you can go ahead and explain that, the
8 nature and extent that the injury was brought to
9 permanency and the permanent limitations that you
10 concluded with regard to the shoulder.

11 A Sure. I would characterize Mr. Munoz' surgical
12 result -- those are words that surgeons use to evaluate
13 our work. I would call it fair. It's not good. It's
14 not great. He worked very hard before and after the
15 second surgery. He's worked very hard with physical
16 therapy up until now. I've seen him a -- last week,
17 and he still has a lot of pain in the shoulder. His
18 mobility is fair. I apologize. I can tell you what it
19 was. He has pretty good mobility raising the arm
20 forward, almost full normal. He has moderately --
21 actually, moderately severe restriction of what's
22 called an abduction, lifting the arm to the side.
23 Those are all quite painful when he does them.
24 Unfortunately, his arm is weak, so trying to do any
25 heavy work, any repetitive lifting, really, any

1 overhead activity, which is something that really can
2 bother a person with a shoulder problem is something
3 he's never going to be able to do.

4 I don't see that this shoulder issue, even
5 though I've done the surgeries, is going to resolve to
6 the point where he's going to get to the point of being
7 able to do any even medium heavy labor, any heavy work.

8 Q And so what is your conclusion with regard to
9 whether or not he can probably return to his prior
10 employment as a Union plasterer mason?

11 MR. GULINO: Objection to form. No
12 foundation.

13 THE COURT: Do you want to respond?

14 MR. CLARK: I think the expert did lay a
15 foundation.

16 THE COURT: Overruled.

17 BY MR. CLARK:

18 Q You can go ahead.

19 A A person who has a serious problem with the
20 shoulder will have a lot of difficulty and probably
21 find it impossible to perform repetitive overhead
22 activities. I say "overhead," I don't mean reaching up
23 to the light bulb, but anything above what we call 90
24 degrees approximately here. So anything that he's
25 going to have to do, -- you know, maybe once he can go

1 into his kitchen and unscrew and put the light bulb in,
2 although it will probably hurt him. To go back and try
3 to do any heavy work, repetitive up and down on walls,
4 up and down on ceilings, it's not going to happen.
5 It's impossible.

6 Q Okay. And just briefly, did you also
7 conclude that he sustained a back injury as a result of
8 the incident of July 25, 2013?

9 A Yes.

10 Q Okay. Can you just please briefly describe
11 the nature and extent of that without going into all
12 the appointments and treatments, the nature and extent
13 of the treatment?

14 A Sure. Well, as I said, the first day I saw Mr.
15 Munoz, he was complaining of pains in his lower back.
16 He had positive physical findings throughout with
17 tenderness with limitation of mobility. He did go
18 through physical therapy for his back, which sometimes
19 helped and sometimes didn't. When I last saw him,
20 actually, he was still having a lot of pain in his
21 back.

22 He had limitation of motion, difficulty
23 bending. He had had an MRI done of the lumbar spine.
24 It was done at St. Barnabas in Livingston in 2016, and
25 there was two findings.

1 Q Doctor, if I can just put this up. This is
2 plaintiff's Exhibit 12.

3 MR. GULINO: No objection.

4 BY MR. CLARK:

5 Q So if you want to use that as well, you can
6 as well just to --

7 A Sure. By way of anatomy, this is an MRI of the
8 lumbar spine, the lower back. Again, we're looking at
9 the inside of the body. This is an artist's rendition.
10 It's accurate. This is a copy of what the MRI looked
11 -- this is a copy of the MRI. This is the front of the
12 body. This is the back of the body. Each of the
13 vertebrae has a number. The vertebrae are the bones in
14 the spine, so the lumbar vertebrae are L1, 2, 3, 4, 5,
15 and this is called the scrum. It's where the spine
16 blends into the pelvis.

17 There's a small disk protrusion at what's
18 called L5/S1, the very bottom part of the disk. Disk
19 protrusion means that a portion of the disk material is
20 pushing out of place and it's irritating some of the
21 small nerves in the back, and that can cause pain.

22 Q Okay. And the injury -- and you're still
23 treating him for that injury?

24 A Yes.

25 Q All right. And, now, we're not going to go

1 through all the medical records and all that, but just
2 in sum, with regard to the things you reviewed, is it
3 -- is it a fair estimate that he's had about 39 or 40
4 doctor visits with you over the last three years or so?

5 A Yes.

6 Q Okay. And we have all the -- we have all the
7 physical therapy records here as well. Is a fair
8 estimate about 110 physical therapy visits, without
9 going through each one and counting them up?

10 A Yes.

11 Q Now, I have here plaintiff's Exhibit 33.
12 You've had a chance to go through these?

13 A Yes.

14 Q Okay. And what are those?

15 A These are the medical bills for Mr. Munoz'
16 treatment related to the injury of June, 2013.

17 Q All right. And are those medical bill
18 amounts that you've gone through, do they appear to be
19 reasonable?

20 A Yes.

21 Q And do they reflect for treatment that was
22 necessary to treat the injury from the incident?

23 A Yes.

24 Q Okay. And what is the total amount of the
25 medical bills demonstrated there?

1 MR. GULINO: I renew my objection, Your
2 Honor.

3 THE COURT: Overruled.

4 THE WITNESS: The total is \$104,671.14.

5 MR. CLARK: And I would like just formally to
6 move them into evidence, but we can deal with it later.

7 THE COURT: Deal with it later.

8 MR. CLARK: Okay.

9 BY MR. CLARK:

10 Q And just -- is the back injury that you
11 talked about a permanent injury?

12 A Yes.

13 Q And you also -- you gave in your report --
14 just let me briefly have your report. There was an
15 estimated cost of future treatment. Do you recall that
16 in your report?

17 A Yes. I did.

18 Q And what was that estimate and please give us
19 the basis for it.

20 A I estimated -- and this is a rough estimate --
21 approximately \$25,000 future treatment. As far as the
22 shoulder is concerned, at this point, further physical
23 therapy is not probably necessary right now, but it's
24 certainly, as he goes forward, it may be necessary in
25 the future. As far as the -- and I would say there is

1 some chance of -- the problem with a rotator cuff tear
2 that's repaired surgically is that there's a higher
3 incidence of a reoccurring tear. He's more vulnerable
4 to any further trauma that might tear it again. I hope
5 this doesn't happen, but further surgery is a
6 possibility. I think it's a small possibility but not
7 zero.

8 As far as the lower back is concerned, I've
9 -- he hasn't had these done, but we have talked about
10 pain management for the lumbar spine, what we call
11 epidural injections. That's spinal injections of
12 cortisone into the spinal column. I don't do them
13 personally, but a good pain management doctor could put
14 a cortisone injection into this area and try -- the
15 cortisone relieves the inflammation and can help
16 control pain. Those would probably be pretty expensive
17 with the anesthesia fees, with the pain management
18 doctor's fees, and the surgical fees.

19 Q And then just, finally, working off the brief
20 report of December 27 of 2016, you had the opportunity
21 to look at the defense medical expert report?

22 A Yes. I did.

23 Q All right. And as far as you understand it,
24 did that doctor treat Washington Munoz or just see him
25 one time?

1 A I'm sure he did not treat Mr. Munoz, and I believe
2 he only saw him one time.

3 Q Okay.

4 A To the best of my knowledge.

5 Q And after you reviewed that report, did that
6 change your opinions?

7 A No. Not in any way.

8 Q And why not? If you can just briefly
9 explain.

10 MR. GULINO: Objection. Out of the scope of
11 the report. May we approach?

12 (Discussion at side bar)

13 MR. GULINO: My objection, Your Honor, is
14 based upon the fact that the report doesn't explain why
15 he didn't change his opinion. All he did
16 (indiscernible) conclusion.

17 THE COURT: Mr. Clark, your response?

18 MR. CLARK: The response is that the four
19 corners rule does not require the testimony to be
20 choreographed in the report. It just requires to put
21 fair notice on the defense of the issues to be
22 discussed and under the MC CALLA (phonetic) case, the
23 parties can give the logical predicates for it. I
24 forget the other phrase, but the logical predicates for
25 and conclusions leading from what is in the report. So

1 I think it fairly meets the four corners rule.

2 THE COURT: It does. The objection is
3 overruled.

4 (End of discussion at side bar)

5 BY MR. CLARK:

6 Q If you can just please explain why it did not
7 change your opinion.

8 A Dr. Decter, basically, gave the opinion that the
9 second surgery was not -- and let me rephrase that --
10 but Dr. Decter stated that the first surgery was
11 related and necessitated by the accident of 2013, but
12 he said that the second surgery wasn't and I just
13 -- I explained before why it was, the fact that there
14 was a non-visualized tear weakening the tendon and that
15 with the post-operative treatment after the first
16 surgery, the tear extended and became a full thickness
17 tear necessitating the surgery that I had to do.

18 MR. CLARK: That's all I have. Thank you,
19 Your Honor. Thank you, doctor.

20 THE COURT: Cross-examine?

21 MR. GULINO: Yes, Your Honor.

22 CROSS-EXAMINATION BY MR. GULINO:

23 Q Doctor, did you bring any notes with you
24 today or a file?

25 A Yes.

1 Q Do you have it with you in front of you?

2 A Yes.

3 Q May I have a moment to look at it? May I
4 approach?

5 THE COURT: Yes. Thank you.

6 MR. GULINO: Thank you. All right.

7 BY MR. GULINO:

8 Q Good afternoon, doctor. You've testified
9 before, right, in a courtroom?

10 A Yes. I have.

11 Q Have you ever testified before on behalf of
12 nay of Mr. Clark's clients?

13 A I do not believe so. No.

14 Q When you first saw Mr. Munoz, would it be
15 fair to say that he was sent to you by Mr. Clark's
16 firm?

17 A I don't think so. In fact, I'm sure he wasn't.

18 Q How did he come to see you then?

19 A My -- he was hurt at work, and he was referred
20 through that.

21 Q Okay. Now, when -- did you ever learn the
22 specifics of his accident?

23 A I learned the specifics of the injury from what he
24 told me.

25 Q And when a patient comes to you for the first

1 time for a consultation, would it be fair to say that
2 you depend upon that patient to tell you the full,
3 complete, and truthful version of either their pain or
4 how an accident occurred?

5 A I would be hopeful the patient would be truthful
6 to me. Yes.

7 Q Because in order for you to properly treat
8 them, you need to know or diagnose them -- you need to
9 know what caused it, what are the effects, and how
10 you're going to, hopefully, take care of them, right?

11 A To do effective diagnosis and treatment, I would
12 need to know the condition of the patient when I see
13 them. Knowing what caused it may or may not be
14 important. Knowing what the effects of the treatment,
15 of course, would be important.

16 Q Now, the shoulder itself, would you agree
17 with me that the shoulder is probably the most flexible
18 joint in the human body?

19 A Yes. It is.

20 Q Because we can raise it over our heads,
21 right?

22 A Yes.

23 Q We can push things using our shoulder,
24 correct?

25 A Yes.

1 Q It helps us to pull things. Does it not?

2 A Yes.

3 Q It helps us to lift things off the floor,
4 correct?

5 A Yes.

6 Q We can twirl it around, right?

7 A Right.

8 Q It has a lot of stress on it. Doesn't it?

9 A Yes. It does.

10 Q And you gave an opinion before about Mr.
11 Munoz' ability to return to work. What did he do for a
12 living?

13 A I believe he was a construction and plasterer.

14 Q What particular part of construction did he
15 do?

16 A I'm not sure.

17 Q If I were to tell you that he was a stucco
18 painter in which regularly he would carry 60 pounds of
19 liquid in a bucket and that for hours a day, he would
20 overhead activities, would that be something that you
21 would want to know when the patient comes in and you're
22 trying to ascertain what is the cause of their
23 complaints?

24 A That wouldn't really be germane to the treatment
25 at that point.

1 Q I'm not talking about treatment. I'm talking
2 about the cause.

3 A It might be germane.

4 Q Okay. And do you know the term, repetitive
5 stress activity?

6 A Yes.

7 Q And can you tell the jury what that is?

8 A Repetitive stress activity is a term that can be
9 used to describe an injury that's caused by repetitive
10 use or a problem that's caused by repetitive use. I
11 don't know if it would be called an injury or not.

12 Q Swimmers get repetitive stress on their
13 shoulders, correct?

14 A Yes.

15 Q Painters, right?

16 A Probably.

17 Q Baseball pitchers?

18 A Yes.

19 Q Okay. And when he came into you and he gave
20 you his complaints, the left shoulder was fine, right?

21 A Yes.

22 Q And when you look at the right shoulder, you
23 found a rupture of the proximal biceps tendon, correct?
24 We talked about -- you talked about that before, right?

25 A Yes.

1 Q Okay. And what is that used for, the biceps
2 tendon?

3 A What is the biceps tendon used for?

4 Q What is it used for? What is its use?

5 A The biceps is used for two -- the biceps in the
6 shoulder is used for two functions. It helps to
7 elevate the arm. The biceps muscle also has a large
8 tendon that's attached into the elbow that's vital for
9 a function called supination, which is turning the arm
10 outward, turning -- you basically turn the forearm, so
11 that the palm is facing up.

12 Q So, technically, it wouldn't affect too much,
13 if you were taking something or rubbing something along
14 the wall? It would affect it if you were using a
15 screwdriver, right?

16 A It would affect it if it -- it would affect both.
17 As I said, it adds to the strengthening of lifting the
18 arm, although it's not the primary muscle that does
19 that work. It would have more of an effect on the
20 lower arm, the forearm.

21 Q Now, when he came to you and you took his
22 history, you performed an examination, correct?

23 A Yes.

24 Q And would it be fair to say that there were
25 three types of ways we can diagnose somebody? One is a

1 clinical examination, two is a film study, and three is
2 an arthroscopic procedure? If you wanted to look at
3 someone's joint, for example, shoulder?

4 A Yes. That's true.

5 Q And so your clinical examination of Mr. Munoz
6 is geared towards finding his limitations, correct?

7 A Yes.

8 Q And you're trying to figure out what's wrong
9 with him, right?

10 A Yes.

11 Q And would it be fair to say that, sometimes,
12 when you try to figure out what's wrong with a patient
13 after a clinical examination, you're still not sure.
14 So what you do then is you order a film study, such as
15 an MRI, correct?

16 A That's correct.

17 Q All right. And that was done in this
18 particular instance. Wasn't it?

19 A Yes.

20 Q Right? Okay. Now, when you saw him the
21 first day, which was in July 11th, about three weeks
22 after the accident, two weeks after the accident, you
23 also reviewed medical records from the Center for
24 Occupational Medicine at Hackensack University. Did
25 you not?

1 A Yes.

2 Q And as a matter of fact, you made reference
3 to that in your report. Did you not?

4 A Yes. I did.

5 Q And would it be fair to say that when you
6 referenced that in your report, the only two complaints
7 that he made, according to your interpretation of that
8 record on the two dates that he went there, 6/26 and
9 6/28/2013, was upper back strain and right biceps
10 strain. Is that correct?

11 A That's correct. That's what their records said.

12 Q Now, --

13 MR. CLARK: I'm just -- I object to that.
14 And (indiscernible) of the record. It's a little
15 different. I object to that question.

16 THE COURT: Okay. You can go back on
17 redirect to the extent it says something different
18 according to you.

19 BY MR. GULINO:

20 Q Rotator cuff is a very (indiscernible) --

21 A Yes. Usually.

22 Q And you did a fellowship in 1986/1987 or
23 1987/1988, did you not, in spine surgery?

24 A '86/'87 spinal surgery. Yes.

25 Q Would it be fair to say, you still perform

1 spine surgery?

2 A No.

3 Q Not anymore?

4 A No.

5 Q Have you performed examinations of litigants
6 on behalf of defendants?

7 A Yes.

8 Q And have you also testified on behalf of
9 plaintiffs?

10 A Yes.

11 Q Have you ever worked for a company called
12 Exam Works?

13 A Yes.

14 Q Have you done examinations for them on behalf
15 of defendants?

16 A Yes.

17 Q Now, -- so you can go back to your -- the
18 first report, if you don't mind. You can use it, if
19 you would like. So we'll look at your July 11th, 2013,
20 report and you indicated that the plaintiff had good
21 rotation. Do you want to show the jury what good
22 rotation means? It's on the second page, I believe, of
23 your report, doctor, on the top.

24 A Yes. Rotation is reaching behind the head and
25 reaching behind the back. This is rotation. Yes. It

1 does say good.

2 Q And you note his rotation is good, correct?

3 A Yes.

4 Q So if he had made any complaints to you, you
5 would have made a notation to that effect, right,
6 concerning rotation?

7 A Yes.

8 Q Now, an equivocal impingement sign, why don't
9 you tell the jury what that means.

10 A We were talking about impingement before, where
11 the rotator cuff, when elevated, gets caught between
12 the bones -- the humerus and the bone of the shoulder.
13 An impingement sign is the doctor elevating the arm and
14 rotating the arm forward. It can be done with the arm
15 to the side or the arm in front. When that happens,
16 that brings the rotator cuff under pressure and if a
17 patient complains of pain, that's what's termed a
18 positive impingement sign. That's a sign for a rotator
19 cuff problem.

20 Q What if it's equivocal? What does that mean?

21 A That means he was having so much pain at the time
22 I did the first exam, I couldn't tell whether it was --
23 he was having pain from what I did or whether it was
24 just from the injury itself.

25 Q Now, there was one test that you performed on

1 him in your clinical examination. That's called a drop
2 test, correct?

3 A Yes.

4 Q And do you want to tell -- tell the jury what
5 a drop test is.

6 A If the examiner, the doctor holds the -- whips the
7 arm passively and carefully lets go, the arm might fall
8 to the side and that would be a sign of a ruptured
9 rotator cuff.

10 Q And you performed that test on him. Did you
11 not?

12 A Yes.

13 Q And when you performed that test on him, the
14 test was negative, meaning he was normal on the drop
15 sign, right?

16 A Yes. Of course.

17 Q Which at that time indicates there's no
18 rotator cuff problem.

19 A That's -- that's a possible indication that
20 there's not a complete rupture of the rotator cuff. No
21 test is 100 percent accurate, and it would not be a
22 sign that would say, there's no partial tear or rotator
23 cuff tendonitis or impingement.

24 Q Well, let's put it this way. If the drop
25 sign was positive, would it be fair to say you would

1 opine and say, you know what, he's got a rotator cuff
2 tear?

3 A I would be much more suspicious of a rotator cuff
4 tear, if that were true. Of course.

5 Q So, now, after that, -- and you wanted to
6 have an MRI done, correct, because you still try to
7 figure exactly what's going on, right?

8 A Yes.

9 Q And he was sent for an MRI at some point,
10 correct?

11 A Yes.

12 Q And it was an MRI of the right shoulder,
13 right?

14 A Yes.

15 Q Now, you wanted to at the end of your first
16 report, you wanted to rule out a rotator cuff tear.
17 Didn't you?

18 A Yes.

19 Q Because that's some serious stuff, right?
20 You wanted to make sure -- when you say, rule out, I
21 want to make sure that he does not have a rotator cuff,
22 so you ordered an MRI, correct?

23 A Yes.

24 Q And you had the MRI done. You not only
25 looked at the radiologist report -- and this is

1 referring to your August 9th, 2013, report. You also
2 looked at the MRI yourself. Did you not?

3 A Yes.

4 Q And, now, we put them on a CD just as you
5 have them here and you can look at them on a screen,
6 correct?

7 A Yes.

8 Q Now, the MRI thought that there was
9 tendonitis and a partial tear without retraction,
10 correct?

11 A Yes.

12 Q And tendonitis is something that occurs in
13 over use. Does it now?

14 A It can. Yes. That's one of --

15 Q Like tennis elbow, right? It's like a
16 tendonitis. Tendonitis is in the shoulder. Is it not
17 on the MRI?

18 MR. CLARK: Judge, I just object to that.
19 It's like a triple compound question.

20 THE COURT: Yes. Re--

21 MR. GULINO: I'll withdraw the question.

22 Thank you.

23 THE COURT: Thank you.

24 BY MR. GULINO:

25 Q The tendonitis that was found on the MRI had

1 to do with his right shoulder, correct?

2 A Yes.

3 Q Okay. And does the tendonitis also indicate
4 inflammation or can inflammation be a product of the
5 tendonitis?

6 A Tendonitis is inflammation.

7 Q It is inflammation? Okay. Now, you talked
8 before during direct examination. We used a film, but
9 we don't have to look at the film study. I want you to
10 look at the CAT -- the MRI report of 7/19/13, which you
11 both looked at the report and you looked at the film.

12 MR. CLARK: Judge, just briefly.

13 THE COURT: Side bar?

14 MR. CLARK: Yes. Just briefly.

15 (Discussion at side bar)

16 MR. CLARK: I spoke to the (indiscernible)
17 the single abnormality with the radiation from stomach
18 cancer has nothing to do with the finding of the back
19 and I have made that in limine motion that stomach
20 cancer shouldn't come in and radiation. We talked
21 about it. It would just be the single abnormality. He
22 can talk about that. But linking into radiation and
23 stomach cancer, we had made that motion and I just want
24 to -- I just want to ask that we stay away from that.
25 I don't have any problem with the single abnormality,

1 but saying radiation and cancer. Thank you.

2 THE COURT: Well, the cancer part it, you
3 know that you're limited.

4 MR. GULINO: I was wondering why he didn't
5 bring it out on direct then and say that it has nothing
6 to do with it.

7 MR. CLARK: Judge, how about radiation, that
8 he had radiation therapy because that's the equivalent
9 of saying cancer because I think most people would
10 equate that with cancer.

11 MR. GULINO: I'm not going to use it, Judge.
12 I won't go into it.

13 (End of discussion at side bar)

14 BY MR. GULINO:

15 Q Okay. So do you have the report in front of
16 you?

17 A Yes.

18 Q All right. And an MRI was taken of the right
19 shoulder and that was taken on July 19th, 2013, which
20 is less than -- less than a month after the accident,
21 right?

22 A Yes.

23 Q All right. And the MRI says, the
24 (indiscernible) humeral joint and the AC -- and did I
25 pronounce that correctly -- glenohumeral?

1 A That's fine.

2 Q And AC joint are intact. The AC is the
3 acromioclavicular, correct?

4 A Right. That's the joint between the collarbone
5 and the shoulder.

6 Q And they're intact, right? No problems in
7 there?

8 A Yes.

9 Q And there was impingement noted. Now, you
10 talked before about impingement. That's when the
11 tendons get sort of caught up in the bone, right?

12 A Yes.

13 Q And the person has a tough time moving the
14 arm. Do they not? When they have impingement or at
15 least it's restricted, correct?

16 A Sometimes, they do. Sometimes, they don't.

17 Q Sometimes, you hear a click or something or
18 do you hear anything or feel something?

19 A Sometimes, you do. Sometimes, you don't.

20 Q Does it -- does it sometimes result in
21 weakness, impingement?

22 A It can. Yes.

23 Q And is it -- is it the tendon that goes
24 through the outside of the shoulder? Is that the one
25 where you worry about when we talk about impingement?

1 A We worry about the biceps tendon and several of
2 the rotator cuff tendons. Yes.

3 Q Okay. And with the impingement syndrome that
4 they noted was -- withdrawn. The MRI showed a rotator
5 cuff, didn't it, tear, the first one.

6 A The MRI report is partial rotator cuff tear. Yes.

7 Q Tendons and rotator cuff reveal a partial
8 rotator cuff tear of the supraspinatus and
9 infraspinatus portion, correct?

10 A Yes.

11 Q And so the supraspinatus is up here, right?

12 A Yes.

13 Q And the infraspinatus, is that the one back
14 here?

15 A It's behind it. Yes.

16 Q Yes. Okay. Lower, right?

17 A Yes.

18 Q And there was no subacromial or subdeltoid
19 bursa, glenohumeral you would see, right?

20 A Right.

21 Q And when you get fluid, would it be fair to
22 say that that, a lot of times, is a sign of trauma in
23 somebody, that something happened and the body creates
24 this fluid?

25 A It could be a sign of trauma. Yes.

1 Q And -- and so the absence of fluid in that
2 area would lead you to believe that there was no trauma
3 to that part of the shoulder?

4 A No.

5 Q At least no impact?

6 A No.

7 Q Is it one of the things that would have led
8 you to believe that if it was a trauma, it would be a
9 slight trauma, if he didn't have any (indiscernible) --

10 A No.

11 Q Fluid is created by what, irritation?

12 A Yes.

13 Q And is the fluid also created by an impact?

14 A It can be.

15 Q Is it also created by a twisting, somebody's
16 arm gets wrenched behind them, correct?

17 A It could be.

18 Q All right. And we didn't have any fluid in
19 this instance in this MRI. Did we?

20 A No.

21 Q Now, you thought, still, that there might
22 have been a rotator cuff tear because the MRI is
23 telling you that it looks like there's some kind of
24 rotator cuff problem, right?

25 A Yes.

1 Q And so the third part of our diagnosis is
2 when we go in and we do a full operation, correct?

3 A Yes.

4 Q And you do an arthroscopic surgery, correct?

5 A Yes.

6 Q And an arthroscopic surgery is when you go in
7 and you have a camera, right? Correct?

8 A Yes.

9 Q How many holes do you make, three?

10 A Two or three, depending on the separation.

11 Q Two or three and you go right into somebody's
12 shoulder. Don't you?

13 A Right.

14 Q And what you're looking in, it's you and the
15 camera in that shoulder, correct?

16 A Right.

17 Q And so you're the one -- I know you were
18 assisted during the operation, I think, by Crystal
19 Jackson. I'm not too sure.

20 A That's correct.

21 Q Okay. But you're the one who performed the
22 surgery, correct?

23 A Yes.

24 Q And you were the one who used the camera to
25 look into Mr. Munoz' shoulder. Is that correct?

1 A Yes.

2 Q Now, when you do an operation, would it be
3 fair to say that there are requirements that you
4 prepare an operative report, right?

5 A Yes.

6 Q And I don't know if it's a state law or a
7 federal law, but there's some kind of a requirement
8 that when you prepare that operative report, it has to
9 be pretty accurate, correct?

10 A We would hope it would be accurate. Yes.

11 Q Just as professional pride would have you do
12 it anyway, right?

13 A Right.

14 Q Okay. And you do these operative reports
15 within a few minutes or maybe a few days of the
16 surgery. Do you not?

17 A Well, usually the same -- usually, right
18 afterwards.

19 Q Okay. Which would mean it would be more
20 accurate than one you did a week later, correct?

21 A I don't think so. It would be accurate either
22 way.

23 Q Now, you had a preoperative diagnosis. Did
24 you not?

25 A Yes.

1 Q And can you tell the jury what preoperative
2 diagnosis is? What is it?

3 A It's the diagnosis made before the operation.

4 Q I know it's not a guess, but is it your
5 estimate as to what you think is wrong with the person?

6 A Yes.

7 Q And then you have a post-diagnosis -- post-
8 operative diagnosis, which is your findings after you
9 perform the surgery, correct?

10 A Yes.

11 Q All right. So what was your preoperative
12 diagnosis before the surgery?

13 A Impingement syndrome of the right shoulder with
14 chronic biceps rupture.

15 Q And do you want to tell the jury what the
16 post-operative was after you performed the surgery?

17 A The same.

18 Q No mention of rotator cuff, is there?

19 A Well, in the findings, there is.

20 Q Findings have -- and you're going to go down
21 and you did the surgery, correct?

22 A Yes.

23 Q And we'll go back to the findings in a
24 minute. When you went into -- you went to one, two,
25 three, four, five, six paragraphs in on the second

1 page, procedure and detail -- procedure and detail, --
2 do you see it? Okay. So the arthroscope was sent in
3 and you looked at the glenohumeral joint. It was
4 identified and -- correct?

5 A Yes.

6 Q And then you found mild to moderate
7 degenerative change with softening and irregularity of
8 the articular surface of the glenoid and humerus were
9 noted, correct?

10 A Yes.

11 Q Degenerative. This acci-- your surgery was
12 in October, about four months after the accident?

13 A Right.

14 Q And degenerative by medical definition means
15 having to take place over a long period of time.
16 Doesn't it?

17 A Usually. Yes.

18 Q And you found degenerative changes four
19 months post-accident, correct?

20 A Yes.

21 Q Now, all things being equal then, what you
22 found that was degenerative existed before June 25th,
23 2013?

24 A He had a little arthritis in his shoulder that
25 preexisted the accident. Yes.

1 Q So you found that it preexisted?

2 A Yes. Yes. Obviously.

3 Q Okay. Now, the glenoid labrum was intact?

4 A Yes.

5 Q The biceps tendon, you said, is absent,
6 correct?

7 A Yes.

8 Q Now, you chose not to do anything with the
9 biceps tendon. Is that correct?

10 A Yes.

11 Q I'm not going to ask you could have something
12 been done, but you just chose not to do it, right?

13 A That's correct.

14 Q All right.

15 A I felt that that was best for him.

16 Q And you had looked at the rotator cuff.
17 Didn't you?

18 A Yes.

19 Q And I'm going to quote from your report. The
20 rotator cuff was visualized and the articular was
21 intact with no tears noted. Is that accurate?

22 A Yes. Absolutely.

23 Q And so when you looked in that time, there
24 were no tears. Were there?

25 A On that side, on the bottom side of the rotator

1 cuff, there were no tears.

2 Q There were no tears in the rotator cuff when
3 you looked that day, right?

4 A On the articular side. Yes.

5 Q Okay. And is that the side he complained of?

6 A What?

7 Q Was that the side that he complained of?
8 Where is the articular side?

9 A I have to go into anatomy again. I apologize.
10 The rotator cuff runs from the scapula, which is the
11 shoulder blade, underneath the acromion, which is the
12 point of the shoulder, into the humerus. It sits
13 between two bones, the acromion, which is the bone at
14 the point of the shoulder, and the humerus, which is
15 the bone. We were looking at that before.

16 There's two -- orthopedists use two medical
17 terminology to describe two sides of the rotator cuff,
18 the articular side, which is the bottom of the rotator
19 cuff, and the bursal side, which is the -- or the
20 subacromial side, the side under the -- under the bone
21 of the acromion, so that's the top side.

22 Q You then went down to the subacromial joint?
23 Is that true? Right?

24 A Yes.

25 Q And marked, m-a-r-k-e-d, hypertrophy,

1 h-y-p-e-r-t-r-o-p-h-y, was noted of the bursa, correct?

2 A Yes.

3 Q Hypertrophy means too much of something?

4 A Right. Enlarged.

5 Q Too much of --

6 A It's enlarged.

7 Q It's enlarged. The bursa is enlarged. Is it
8 not? Now, the bursa, is that near the outside or the
9 top of the shoulder?

10 A That's the top of -- that's the upper -- it's on
11 the upper side of the rotator cuff.

12 Q Is it between bones, the bursa?

13 A Yes.

14 Q And does it get a lot of stress during
15 construction activity or painting activity or anything
16 like that?

17 A It could. Yeah.

18 Q Sure. And when it gets a lot of stress on
19 it, it starts to expand, swell, right?

20 A It can. Yes.

21 Q And is that what you found here?

22 A Yes.

23 Q Okay. And in order to take care of that,
24 would it be fair to say that what you needed to do is
25 you get a subacromial decompression?

1 A Yes.

2 Q And subacromial decompression is that you go
3 in and you basically shave the bone that's near or
4 around the bursa. Did you not?

5 A Yes.

6 Q The rotator cuff was clearly identified.
7 There was fraying on the bursal surface, right?

8 A Yes.

9 Q And the fraying, rubbing, right? It wasn't a
10 tear, correct?

11 A One could call it a tear. I think fraying is a
12 more accurate word.

13 Q I understand that, but you didn't -- you
14 didn't call it a tear in your operative report?

15 A That's true.

16 Q So there's no tear because if there was a
17 tear, would it be fair to say that you would have put
18 it in your operative report, the man has a rotator cuff
19 tear?

20 A If there were a full thickness tear at that time
21 and I specify that there's not, I would have put that
22 in.

23 Q There is also a term, partial thickness tear,
24 correct?

25 A Right.

1 Q And you didn't use that term?

2 A No.

3 Q Okay. So we don't even have a partial
4 thickness tear there. Do we?

5 A Like I said way at the beginning, I didn't
6 visualize a partial tear when I did the scope because
7 it was interstitial.

8 Q You also did an acromioplasty?

9 A Yes.

10 Q And tell the jury what that is.

11 A Like we talked about before, we use 5.5 millimeter
12 burr that shaves some of the bone down to allow better
13 motion.

14 Q If I may. The acromioplasty, right here,
15 referring to the demonstrative exhibit of your 10/21/13
16 surgery, this is the burr that you used, right?

17 A Yes.

18 Q And you're basically shaving away too much
19 bone. Aren't you? You want to give this tendon and/or
20 bursa, whatever is down there, some room to breathe,
21 correct?

22 A Well, I'm not shaving away too much bone. I'm
23 shaving away the right amount of bone.

24 Q Well, I'm not saying you -- I know you did
25 the right thing, doctor. I'm just saying that you--

1 whatever was there was too much.

2 A Right.

3 Q How about that?

4 A That's right.

5 Q Okay. So you're trying to bring it back to
6 where it's supposed to be, right?

7 A Right.

8 Q Okay. That's not indicative of trauma. Is
9 it?

10 A No. That was probably there before the accident.

11 Q Okay. So that part of the surgery was there
12 before the accident, right? Now, we also did a
13 subacromial decompression. Did we not?

14 A Yes.

15 Q Okay. And that is where you take care of the
16 bursa, right?

17 A Yes.

18 Q Okay. Now, how did -- what did he tell you
19 how the accident happened? What did he say?

20 A He was -- he told me he was working on a roof. He
21 stepped through a hole in the roof and landed on his
22 right arm while carrying a heavy bucket.

23 Q So that would be the trauma that you would
24 think would cause injury to the shoulder, to the need
25 of anterior or the bursal excision or the subacromial

1 decompression?

2 A Yes.

3 Q Okay. Now, what if I were to tell you that
4 there's testimony, and there was this morning, by a
5 witness who was five feet behind him who said he never
6 fell down? Would that in any way affect your opinion?

7 A No.

8 Q If he had no trauma to the shoulder or to the
9 arm because he fell, would that affect your opinion?

10 A Is the question, if he had no trauma, would that
11 affect my opinion?

12 Q Uh-huh.

13 A Yes.

14 Q Okay. And if he had stumbled and stopped and
15 put down what he was carrying, would you agree with me
16 that he didn't have any trauma to his shoulder?

17 A If we were -- if he was stumbling, if a heavy load
18 pulled onto the shoulder, then, no, I would not agree
19 with you.

20 Q Okay. Do you know if he had a heavy load in
21 his right arm?

22 A I don't know.

23 Q And if he didn't have a heavy load in his
24 right arm, would that affect your opinion?

25 A If he had a load of even a few pounds, that would

1 be sufficient to damage the shoulder.

2 Q If he had no load in the right arm, he
3 stumbled, would that affect your opinion?

4 A If his -- if his arm -- if his arm were empty and
5 he were carrying nothing, yes, that might affect my
6 opinion.

7 Q Okay. So if the jury will find that he had
8 nothing in his right arm and he did not fall to the
9 ground, would you agree with me that the surgery that
10 you performed on October 21st, 2013, was not related to
11 the accident?

12 MR. CLARK: Judge, I would just object to
13 that as to what the jury would find.

14 THE COURT: Rephrase your question.

15 MR. GULINO: Sure.

16 BY MR. GULINO:

17 Q If the jury finds that Mr. Munoz did not
18 fall, --

19 MR. CLARK: Judge, I'm just --

20 THE COURT: So the objection is with respect
21 to the question as it relates to that part of your
22 question.

23 MR. GULINO: The last part of the question?

24 THE COURT: The first part of the question.

25 MR. GULINO: The first part of the question?

1 THE COURT: Yes. Rephrase it.

2 MR. GULINO: Oh, I apologize. I apologize,
3 Your Honor.

4 BY MR. GULINO:

5 Q If it is shown or if he did not have a weight
6 in his right arm and he did not fall to the ground but,
7 rather, stumbled, would you agree to me that the
8 surgery that you performed on October 21st, 2013, was
9 not because of this accident?

10 MR. CLARK: Judge, I just object. It's a
11 hypothetical that doesn't really match anything. It's
12 not a proper hypothetical because it's being -- it's
13 being tied to this actual incident.

14 THE COURT: The objection is overruled.

15 THE WITNESS: If there was no trauma, then
16 what he's trying to say is probably correct.

17 BY MR. GULINO:

18 Q Okay.

19 A If there was trauma, he's wrong.

20 Q Thank you. Now, he came back to see you on
21 October 31st, 2013?

22 A Right.

23 MR. GULINO: Judge, may we approach?

24 THE COURT: Sure.

25 (Discussion at side bar)

1 MR. GULINO: I don't want to bring the doctor
2 back. It costs them money, but I'm going to be another
3 20 minutes. I don't know what time you close.

4 THE COURT: Well, we close at 4:30.

5 MR. GULINO: Yeah. I'm not -- I haven't even
6 gotten to the second surgery. That's a big part.

7 MR. CLARK: Is there any kind of exception we
8 can make? I know the doctor really wanted --

9 MR. GULINO: I mean, I'm willing to --
10 whatever you can do.

11 THE COURT: All right. Let me check with the
12 jurors because we did tell them that they would be out
13 of here at 4:30, so let me --

14 MR. CLARK: Thank you.

15 (End of discussion at side bar)

16 THE COURT: So to the jury, members of the
17 jury, I indicated to you that our court date typically
18 ends at 4:30. I'm being told that at least another 20
19 minutes or so and I don't know whether or not there
20 will be any redirect after that. So the question for
21 you is whether or not this presents a problem for any
22 of you staying beyond the 4:30 hour. Anyone? Okay. I
23 don't see any affirmative responses, so you can
24 continue.

25 MR. GULINO: Thank you.

1 BY MR. GULINO:

2 Q You had office notes here on Halloween, how
3 about that, October 31st, 2013. And do you see the
4 fourth line, I'm going to read it to you. Let me know
5 if I'm accurate. The findings of surgery were
6 impingement syndrome of the right shoulder, intact
7 rotator cuff, and chronic biceps rupture. Okay?

8 A Yes.

9 Q And he told you that he was going to go down
10 and travel to Ecuador, didn't he, right after that?

11 A Yes.

12 Q And he was going to be away for three weeks,
13 correct?

14 A Yes.

15 Q Now, when he returned to you on January 28th,
16 2014, the physical exam showed he had no acute
17 distress, correct?

18 A Correct.

19 Q All right. Why don't we jump to April 15th,
20 2014. Now, you did -- you asked him if he could return
21 to work and he said, no, correct?

22 A Yes.

23 Q And we go down to May 13, 2014. You
24 performed some testing on him. Did you not?

25 A Yes.

1 Q Flexion and abduction. So you did a forward
2 flexion test. Can you -- can you show the jury what
3 that is?

4 A It's raising the arm forward. He had full motion.

5 Q And what's the best you can do? We --
6 withdrawn. We use degrees to measure. Do we not?

7 A Yes.

8 Q And what's the best in degrees you can do?

9 A 180.

10 Q And what did he have?

11 A 180.

12 Q All right. So he was the best
13 (indiscernible) right?

14 A Yes.

15 Q At least on forward flexion?

16 A Right.

17 Q And on abduction, what is that?

18 A-b-d-u-c-t-i-o-n.

19 A That's lifting the arm to the side.

20 Q And he was like 165 degrees?

21 A Correct.

22 Q And the best you could do was 180?

23 A Correct.

24 Q Okay. So he was, I don't know, four percent
25 off, right, five percent off, if you do it by

1 percentages?

2 A That's a good estimate.

3 Q Okay. And he also did internal and external
4 rotation. What is that? Show the jury.

5 A Like we did before, external is putting the hand
6 behind the head. Internal is reaching behind your
7 back.

8 Q So he did this and he did this and it was
9 excellent. Wasn't it?

10 A Yes.

11 Q Okay. August 12, 2014. Your physical
12 examination showed no tenderness in the rotator cuff,
13 correct?

14 A Correct.

15 Q And would it be fair to say, this is right
16 now 14 months after the accident, correct, about 13, 14
17 months?

18 A Yes.

19 Q All right. And there is 180 degrees of
20 forward flexion and abduction. Now, the abduction, he
21 can do 180 degree, right?

22 A Yes.

23 Q With mild pain, correct?

24 A Yes.

25 Q He comes back in in October 6, 2014. He said

1 that he -- he attempted to return to work. He was not
2 -- only able to stay there for a few hours, right?

3 A Yes.

4 Q And the left shoulder, full range of motion,
5 correct?

6 A Yes.

7 Q All right. Now, this was October of 2014.
8 How was the physical therapy going along? I mean, this
9 is 14, 15 months after the accident and you write
10 another report in November of 2014. How is he doing on
11 the physical therapy?

12 A I think at that point, he was doing the physical
13 therapy the way it was supposed to be done.

14 Q Okay. And you're sure? Now, where was he
15 going? There wasn't a gap for months and months where
16 he didn't go to physical therapy?

17 A I don't think so.

18 Q Okay. Where was he going for physical
19 therapy?

20 A Kessler down in Newark, Ferry Street.

21 Q So he comes back to you in or about November
22 and you do forward flexion, again, and abduction with
23 pain, but it's still 180 degrees and it's full
24 rotation, correct?

25 A Yes.

1 Q And positive impingement sign, correct?

2 A Correct.

3 Q Meaning that he's got some kind of
4 impingement?

5 A Yes.

6 Q And the drop sign is negative?

7 A Correct.

8 Q That means there really shouldn't be a
9 rotator cuff tear, correct?

10 A It's a sign that there might not be. There still
11 could be.

12 Q Right. So if I were to tell you that --
13 withdrawn. Withdrawn. So, now, we're going to get to
14 about three months later, you're going to -- he comes
15 in and he wants an MRI, right, because he's making
16 complaints to you. You send him for another MRI. I
17 misspoke. I know he doesn't want the MRI. You sent
18 him for an MRI, right?

19 A Yes.

20 Q All right. Now, this is in January of 2015,
21 which now is, I don't know, 6/13 of '15, 18 months
22 after the accident give or take.

23 A It's a year-and-a-half after he got hurt, right.

24 Q Year-and-a-half, right? Okay. And there's
25 an MRI done and the findings -- and you reviewed both

1 the report and the film. Did you not?

2 A Yes.

3 Q And film shows that there is severe
4 acromioclavicular joint arthrosis with capsular
5 hypertrophy, right? He's got too much of something?

6 A Right.

7 Q And the severe acromioclavicular arthrosis is
8 what?

9 A Arthritis.

10 Q Huh?

11 A Arthritis.

12 Q All right. Now, edema of the distal
13 clavicle, what is the distal clavicle?

14 A It's the end of the collarbone.

15 Q Is identified. Isn't it?

16 A Yes.

17 Q And what is edema?

18 A Swelling.

19 Q Swelling? Okay. Is it fluid helping the
20 swelling?

21 A It could be. Yes.

22 Q All right. Well, doesn't that MRI also say
23 right after that sentence, there was fluid noted in the
24 subacromial subdeltoid bursa. Where is that?

25 A That's the bursa we looked at there.

1 Q Where you did your surgery on?

2 A Yes.

3 Q Okay. Which wasn't there back in October,
4 2013, correct? You didn't have fluid in that area when
5 you did your surgery?

6 A Well, I pumped fluid in when I did the surgery.
7 Yes.

8 Q Before your surgery, there was no fluid
9 there? How is that?

10 A That's correct.

11 Q Okay. Okay. You go to the second paragraph,
12 there's a high grade partial tear of the supraspinatus
13 tendon affecting the undersurface, right? It's up
14 here? You've got a tear?

15 A Right.

16 Q It wasn't there before. Was it?

17 A No.

18 Q You never saw it before in any film studies?

19 A No.

20 Q You never saw it in any of your surgeries,
21 correct, the one surgery you performed?

22 A That's correct.

23 Q Okay. The subscapularis tendon is thickened,
24 and where is the subscapularis tendon?

25 A That's the front of the shoulder.

1 Q And that's thickened and a thickening is
2 indicative of a trauma?

3 A It could be from trauma. It could just be from
4 just the physical therapy, from using it.

5 Q Trauma is a possibility?

6 A Yes.

7 Q The next paragraph, mild atrophy. What is
8 atrophy?

9 A Shrinkage.

10 Q Of the superscapulus (sic) muscle. Where is
11 the superscapulus muscle?

12 A It's the subscapularis. It's the same one as we
13 just discussed. It's the front of the shoulder.

14 Q And there is small joint effusion. Is there
15 not?

16 A Yes.

17 Q Now, possible small Hill-Sachs,
18 H-i-l-l - S-a-c-h-s, deformity. Is that indicative of
19 a separated shoulder?

20 A It could be indicative, if it were there, of a
21 dislocated shoulder.

22 Q But on the MRI, when you see the term Hill-
23 Sachs deformity, you're saying might be separated
24 shoulder, correct?

25 A We would say dislocated, not separated.

1 Q So we go down to the impression and high
2 grade partial tear, supraspinatus tendon, correct?

3 A Right.

4 Q Small joint diffusion, correct?

5 A Right.

6 Q Acromioclavicular joint arthrosis with bone
7 marrow edema likely post-traumatic with narrowing of
8 the subacromial space, correct?

9 A Right.

10 Q Which means, at least, the MRI or the
11 radiologist says, it looks like trauma, recent trauma
12 of this man's shoulder 18 months after his accident,
13 right?

14 MR. CLARK: Judge, objection. That's
15 complete hearsay that a radiologist --

16 THE COURT: With respect to the radiologist,
17 the objection is sustained.

18 BY MR. GULINO:

19 Q Trauma means an accident, correct?

20 A That's one type of --

21 Q Of some sort, right?

22 A That's one type of trauma, right.

23 Q What else do we have? What other kind of
24 trauma?

25 A As we discussed before, the repetitive trauma of

1 the physical therapy and the work --

2 Q Or -- or --

3 A And --

4 Q Going back to work?

5 A The work --

6 Q Or going back to work, repetitive stress,
7 could that be trauma, too?

8 A It could be. Yes.

9 Q Okay. Now, likely post-traumatic with
10 narrowing of the subacromial space. Now, you read the
11 report, correct?

12 A Yes.

13 Q And did you call the radiologist to say, I
14 don't agree with you, I've looked at these films?

15 A No.

16 Q Okay. I'm almost done. Okay? I'm going to
17 get to your surgery, and then I'm going to get you out
18 of here. So why don't we do this. You're now going to
19 do surgery July 24th, 2015, right?

20 A Yes.

21 Q And that is 25 months after the accident, two
22 years, correct?

23 A That's correct.

24 Q And you are -- your preoperative diagnosis is
25 torn right rotator cuff.

1 A Yes.

2 Q And an AC arthritis. Is that the
3 acromioclavicular arthritis?

4 A Yes.

5 Q And arthritis is a congenital type condition,
6 correct?

7 A No.

8 Q What does it come from? How about
9 degenerative condition?

10 A Arthritis is a degenerative condition.

11 Q All right.

12 A It can be age related or it can be due to trauma.

13 Q It takes a long time for somebody to get it.
14 That's what it means, right? Degenerative? Having to
15 take -- having to take place over a long period of
16 time?

17 A Over a period of time. Yes.

18 Q Well, it's not two dates, correct?

19 A No.

20 Q All right. Degenerative is not two weeks,
21 correct?

22 A No. But it could be two --

23 Q And it's not two months?

24 A It could be two years.

25 Q Okay. It could be two years, correct. Just

1 like in the first --

2 MR. CLARK: I'm sorry. I thought the witness
3 was about to say something. I believe you said
4 something and you got cut off.

5 THE WITNESS: I said, it could be two years.
6 I was going to say, it could be a year.

7 BY MR. GULINO:

8 Q It could be two years, right?

9 A Yes.

10 Q All right. So he has a recurrent -- withdraw
11 that. You go back in. Why don't you go on the second
12 page, doctor. Hypertrophy of the AC joint with
13 degenerative changes of the AC joint were noted.
14 Hypertrophy of the AC joint is too much bone?

15 A Yes.

16 Q Okay. He's got too much bone. Again, he has
17 too much bone like he did the first time, right?

18 A Right.

19 Q First surgery?

20 A Right.

21 Q Okay. Because either he keeps swelling or he
22 keeps using it, one or the other, correct?

23 A Or it has progressed because of his initial
24 injury.

25 Q Now, but if he's not using the sur-- his

1 shoulder working like he was before all those years as
2 a laborer, -- or maybe he was. Did he go back to work?

3 A I believe he went back to work very briefly.

4 Q So you performed another acromioplasty?

5 A Yes.

6 Q You went back in again to do the same thing
7 you did the first time, right?

8 A Yes.

9 Q Because there's too much bone there, right?

10 A Right.

11 Q Okay. Now, I'm sure the first time you
12 operated on him, you took the amount of bone out you
13 were supposed to, correct?

14 A I hope so.

15 Q Something came back. Didn't it?

16 A Yes.

17 Q Okay. More bone, right, which is not caused
18 by trauma, correct, not 18 months after your first
19 surgery?

20 A Probably not.

21 Q Okay. And you went in and fixed his rotator
22 cuff, correct?

23 A Correct.

24 Q Or repair?

25 A Correct.

1 Q Which was not there the first time in the
2 first surgery?

3 A Correct.

4 Q I'm almost done. Oh, you gave an opinion
5 before about whether or not he could go back to work.
6 Do you recall that?

7 A Yes.

8 Q Did you know he's got a commercial driver's
9 license?

10 A No.

11 Q Okay. He had a commercial driver's license.
12 Would you agree with me that he could go back to work
13 as a commercial driver?

14 MR. CLARK: Judge, objection. It's a
15 hypothetical -- it's a hypothetical without sufficient
16 facts. Having a license isn't the only requirement, so
17 I would object. It's a hypothetical without facts.

18 THE COURT: So the objection is sustained.

19 MR. GULINO: Okay.

20 BY MR. GULINO:

21 Q Do you know what a commercial truck driver
22 does? Drives a truck, right?

23 A Right.

24 Q Okay. And if you -- would you -- if I were
25 to -- why don't we do this. If Mr. Munoz is driving a

1 truck in which he has to use the steering wheel and
2 that's all he does or drives a van and that's all he
3 has to do or drives a cab and that's all he has to do,
4 would he be able to do that after your second surgery?

5 A Maybe yes, maybe no. That would have to be
6 specifically tested.

7 Q Okay. Now, I want you to assume that Mr.
8 Munoz goes back to driving a truck. I want you to
9 assume that Mr. Munoz does not have to do loading or
10 unloading. I want you to assume that he drives a truck
11 that's an automatic, so he doesn't have to shift gears.
12 Now, I'm going to ask you, do you have an opinion
13 within a reasonable degree of medical certainty whether
14 he can go back and do that job after your second
15 surgery?

16 A No.

17 Q Now, is it because you didn't fix him?

18 A No. You asked me the question, do I have an
19 opinion. I said, no. I don't have an opinion.

20 Q And I said, is it because you didn't fix the
21 problem?

22 A Is it because -- is the reason that I don't have
23 an opinion to answer your question because I didn't fix
24 the problem? The answer to that question is, no. It's
25 because, as I said before, I think that would have to

1 be tested.

2 Q You would what?

3 A It would have -- to get back to that specific job,
4 operating a motor vehicle, I would think, would have to
5 be tested specifically. I don't know the answer to
6 that question.

7 Q Well, if I were to tell you he's got a
8 commercial driver's license in his -- would you accept
9 that?

10 A What?

11 Q He has a commercial driver's license. Who
12 would he have to be tested by? If you can't answer the
13 question, that's fine.

14 A If a patient came to me --

15 Q And --

16 A -- who had a shoulder injury and I was asked, can
17 he operate a motor vehicle safely, I would say, no, I
18 don't know the answer.

19 MR. GULINO: Thank you, Judge. Thank you.
20 Thank you, doctor.

21 THE COURT: Redirect?

22 MR. CLARK: Yes, Judge. I'll be as brief as
23 I can. All right? I want to get through this quickly.

24 REDIRECT EXAMINATION BY MR. CLARK:

25 Q Just real quick, doctor. Do you recall there

1 was cross-examination about reviewing the records from
2 the Center for Occupational Medicine? Do you remember
3 that?

4 A Yes.

5 Q And then there was a question as to whether
6 or not he really sustained a trauma. Do you remember
7 that?

8 A Yes.

9 Q Okay. And just briefly, Page 1 of the
10 medical records, what does it indicate in the diagnosis
11 with regard to the arm?

12 A Up here is Page 1?

13 Q Yeah.

14 A Upper back strain, right biceps tear.

15 Q And then what is noted in there? Now, you
16 reviewed these records in connection with writing your
17 report, correct?

18 A Yes.

19 Q All right. And what is noted there with
20 regard to the mechanism of the injury?

21 A At the time of the injury, the patient also had
22 his tools on his shoulder and in his hand. He states
23 that his tools may weigh approximately 40 pounds.

24 Q And how about here in the same note from
25 6/26/13 from that provider in the blue with regard to

1 the mechanism of the injury?

2 A Patient verbalized that when he fell into the
3 hole, his tool belt fell down his right upper arm.
4 Noticed a bulge on his biceps area that was not there
5 before.

6 Q And the bulge on the biceps area is what?

7 A It's the Popeye sign. It's the sign of the acute
8 tear of the biceps.

9 Q All right. And is that from trauma or is
10 that from being a laborer?

11 A That's traumatic.

12 Q And in all the materials you reviewed, was
13 there any history of injury to the shoulder or any
14 history of trauma injury to the shoulder before
15 September 25 of '13?

16 A Not that I'm aware of. No.

17 Q Okay. And same with regard to the back?

18 A That's correct.

19 Q And just to sum it up, in your report, you --
20 just what did you note in the summary section there in
21 the blue?

22 A Mr. Munoz sustained a partial rotator cuff tear of
23 the right shoulder with impingement that necessitated
24 two surgical procedures. He has a right biceps tendon
25 rupture. He has chronic thoracic and lumbar sacral

1 sprains with an MRI showing L5/S1 disk herniation.
2 He's had treatment for almost two years, continues to
3 have significant symptomatology substantiated by
4 objective findings. The prognosis for returning to
5 unrestrictive duties in his previous job as a
6 construction worker is guarded at best.

7 Q Okay. And what did you say there about that
8 being caused by the incident?

9 A These are causally related to the work incident of
10 June 25th, 2013.

11 Q All right. And is anything that was
12 discussed on cross-examination, does anything change
13 that opinion, your bottom line opinion?

14 A No.

15 Q Okay. And just real quick, the thing about
16 whether or not there was a full thickness tear and you
17 said, it was an interstitial tear. Can you just
18 explain that briefly? That's my last question I have
19 for you, what you had meant by the interstitial tear in
20 terms of --

21 A The interstitial tear that was noted on the
22 original MRI before any surgery is damage to the
23 tendon, inside the tendon. It's in the middle, so it
24 would not be visualized when I look with the
25 arthroscope from the top to the bottom.

1 Q Okay. And the injuries and treatment for
2 both surgeries you causally relate to the incident?

3 A Yes.

4 MR. CLARK: All right. No further. Thank
5 you, Your Honor.

6 RECROSS-EXAMINATION BY MR. GULINO:

7 Q Doctor, tears are caused by wrenching.
8 Aren't they?

9 A What?

10 Q Wrenching, movement, quick movement, rotator
11 cuff tears or repetitive stress?

12 A Those are some of the causes.

13 Q They're not caused by trauma like that. Are
14 they?

15 A A fall onto the shoulder could --

16 Q Rotator cuff tears are not caused normally by
17 a trauma or direct hit on your shoulder?

18 A Yes. They could be.

19 Q They could be, right? But they're not
20 ordinarily. Are they?

21 MR. CLARK: Judge, objection.

22 THE COURT: He's answered your question.

23 Move on, please.

24 MR. GULINO: Thank you, doctor.

25 THE COURT: All right. Thank you, sir. You

1 may step down.

2 THE WITNESS: Thanks, Your Honor.

3 THE COURT: All right, members of the jury,
4 that's all we have for you today. Thank you for your
5 patience and having accommodated the end to this
6 witness, and we'll see you tomorrow morning at 8:30.
7 Please get home safely and, remember, don't talk about
8 the case. All right? See you tomorrow morning.

9 (Jury excused for the day)

10 MR. CLARK: Judge, I just want to thank Your
11 Honor and your staff and defense Counsel for
12 accommodating that. That would have been a big
13 problem.

14 THE COURT: Sure. All right. So tomorrow --

15 MR. GULINO: I forgot half of my cross. What
16 are we doing tomorrow? Who are you going to call,
17 guys?

18 THE COURT: Do you want to go off the record?

19 (Day's proceedings concluded)

20

21

22

23

24

25

1
2
3
4
5
6
7
8
9
10
11
12
13
14
15
16
17
18
19
20
21
22
23
24
25

CERTIFICATION

I, SHERRY M. BACHMANN, the assigned transcriber, do hereby certify the foregoing transcript of proceedings, time from 9:12 a.m. to 10:31 a.m., from 10:48 a.m. to 12:31 p.m., from 1:38 p.m. to 2:41 p.m., and from 3:07 p.m. to 4:54 p.m., is prepared in full compliance with the current Transcript Format for Judicial Proceedings and is a true and accurate non-compressed transcript of the proceedings as recorded.

Sherry Bachmann

SHERRY M. BACHMANN AOC #454
G&L TRANSCRIPTION OF NJ

Date: July 26, 2017